

In the Matter of the Navigability of the Gila River
03-007-NAV

Transcript of Proceedings, Vol. II
June 17, 2014

Coash & Coash, Inc.
1802 N. 7th Street
Phoenix, AZ 85006
602-258-1440
www.coashandcoash.com



To open files, click on the desired file type in bookmark on left.
For quick saving or searching multiple files, click attachments tab (or paperclip) on left.
For best viewing/searching, use Adobe Reader/Acrobat ver. 9 or higher
(www.adobe.com).